

19 (DESMOND SCHATZ, M.D., PLAINTIFF witness, having been duly
20 sworn, testified as follows:)

21 DIRECT EXAMINATION

22 BY MR. GRIFFIN:

23 Q. Would you please introduce yourself to the jury?

24 A. My name is Desmond Schatz, I'm a professor of pediatrics in
25 the college of medicine at the University of Florida, and

1 medical director of the diabetes center at the University of
2 Florida.

3 Q. How old a gentleman are you, Dr. Schatz?

4 A. I am now 54 years old.

5 Q. All right. And what is your life's work?

6 A. My goal is to do work aimed at the prevention and cure of
7 all people who have diabetes, and to improve the lives of all
8 people who have diabetes. So it takes on multiple facets, from
9 doing research, both basic and clinical, trying to prevent
10 really Type I diabetes, and also involved in treatment to
11 improve their lives, as well as advocacy for all people,
12 children who have Type I diabetes.

13 Q. Do you teach young medical students seeking to become
14 doctors?

15 A. Yes, I teach many people, medical students, residents,
16 fellows, physician's assistants, nurses, all in the course of my
17 day-to-day activities.

18 Q. Are you an endocrinologist?

19 A. I am a pediatric endocrinologist.

20 Q. Do you treat patients as well as teach students?

21 A. I do, yes.

22 Q. And do you conduct research?

23 A. Yes.

24 Q. Tell the jury of organizations to which you belong, and from
25 whom you have received awards for your work in the area of

1 diabetes.

2 A. I'm affiliated with many organizations, the American
3 Diabetes Association, for which I have volunteered for now many
4 years, going back to my time as a fellow, which is now almost
5 over 20 years ago. I received an award for excellence in
6 scientific research from the American Diabetes Association
7 earlier this year, and last year was awarded the highest
8 research award for clinical research by Mary Tyler Moore and the
9 Juveniles Diabetes Research Foundation.

10 Q. Now, Dr. Schatz, we're short on time, but would you mind
11 sharing with the jury your educational background as quickly as
12 you could?

13 A. Certainly. I went to medical school in South Africa. And
14 having done an externship in the United States in San Francisco,
15 I realized that I wanted to do my subspecialty work in the
16 United States, and I came to a program in Florida which is
17 really internationally recognized for its research and for its
18 advances in Type I diabetes aimed at preventing and curing
19 diabetes. And I came there and have never left.

20 So I've been part of a very fortunate -- I've been very
21 fortunate to join a wonderful and capable group of individuals
22 who live my dream, which is trying to, again, improve people's
23 lives and conduct experiments both in animals as well as in
24 humans to try and translate them into better therapies.

25 Q. Do you treat patients and counsel families, both children

1 and adults, as part of your practice in Gainesville, Florida?

2 A. Yes. But to give you an idea, being a pediatric
3 endocrinologist, I see mostly children. And I say children as
4 under the age of 21. So many students who are at the University
5 of Florida and around, I see them, but several patients who have
6 seen for many years have elected to continue to be seen by me,
7 and I follow roughly a dozen of those.

8 Q. And have you been paid by anyone to come and share your
9 knowledge with this jury?

10 A. None. No.

11 Q. Dr. Schatz, how many people in the United States have
12 diabetes?

13 A. There are roughly 24 million Americans who have diabetes.
14 About 10 percent of them have Type I diabetes, which is really
15 the area of my focus and specialty.

16 Q. And are there also people who have Type II diabetes who have
17 to take insulin?

18 A. The answer to that is yes, understanding that Type II
19 diabetes is both -- there's both a resistance as well as a
20 deficiency of insulin. You know, roughly one in four, one in
21 five people actually have to take insulin, so there's a
22 considerable number of Type II patients who also take insulin.

23 Q. So when you combine the Type II's with insulin and the
24 Type I's with insulin, we have millions of Americans who use
25 insulin to manage diabetes?

1 MR. GARDNER: Objection, Your Honor. Leading.

2 THE COURT: Actually, I'm going to allow it. It's
3 leading, but I'll allow it.

4 BY MR. GRIFFIN:

5 Q. Are they in the millions?

6 A. Millions.

7 Q. All right. How many primary therapies are recognized as
8 approved treatments for insulin-treated diabetes?

9 A. Just insulin.

10 Q. And what two therapies of ways of introducing insulin into
11 the human body are state of the art ways of introducing insulin
12 into the body?

13 A. Well, the commonest, by far, is by an injection. And
14 injections can either be given through a syringe, you know, from
15 a vial, or by an insulin pen. And there are different kinds of
16 pens that are on the market by different companies.

17 And over 90 percent of people who have Type I diabetes
18 are on injections, and a minority of people are on insulin
19 pumps, which is another form of therapy.

20 Q. Are both of these therapies recognized as state of the art,
21 good therapies for people with diabetes?

22 A. Both are excellent therapies, and are individualized
23 according to the patient and the patient's lifestyle.

24 Q. Let me just cut to the chase. If the lifestyle is rough and
25 tumble, going to Iraq, Afghanistan, rolling around on the

1 ground, taking people down, carrying armor, which of those
2 therapies generally is going to be most practical to avoid a
3 catastrophe?

4 MR. GARDNER: Your Honor, objection. I don't think
5 Dr. Schatz has been qualified as an expert, and he's offering
6 opinions.

7 MR. GRIFFIN: He has been qualified to offer opinions
8 on their defense. He's about to offer a very strong opinion on
9 that defense.

10 THE COURT: Well, but he needs to be qualified in this
11 case, counsel. You need to ask the question and I need him to
12 give the answer. The fact that you've entered his CV doesn't
13 quite do it.

14 BY MR. GRIFFIN:

15 Q. What have you been asked to do in this case?

16 A. I was actually asked two questions. The first question was,
17 does Mr. Kapche pose a danger to himself or to others; and the
18 other thing is, are there any grounds, the way I understand it,
19 for mandating the use of an insulin pump for all patients -- for
20 all persons who are admitted to the FBI.

21 Q. All right. And as part of your practice, have you
22 maintained years of managing people, treating their diabetes on
23 both injections and on pumps?

24 A. I have treated people for over 20 years, I have -- our
25 practice has about a thousand patients who have Type I diabetes;

1 I currently follow personally about 200 of those. About
2 25 percent of my patients are on insulin pumps, various pumps,
3 but again, the vast majority are on injections and what we call
4 MDI, multiple doses of insulin.

5 Q. In connection with your practice, have you helped families
6 and people who have occupations or activities that are very
7 rough and tumble?

8 A. The answer to that is yes. So I have taken care of football
9 players, the University of Florida Gators, and one of the
10 questions has been in a situation where clearly there's going to
11 be a lot of contact sport, what would be the best way of
12 managing. And clearly, because of the -- what I would say is
13 the lesser dependability of an insulin pump, I recommend --

14 THE COURT: Wait, wait, wait. You're getting into --

15 A. -- injections.

16 THE COURT: Counsel, there is a format for qualifying
17 an expert and then getting expert opinions, and I'm waiting for
18 the question, which I think you want to ask.

19 BY MR. GRIFFIN:

20 Q. Do you have the necessary expertise, because of your
21 background and experience, that you can offer expertise to the
22 jury on the question of whether an insulin infusion pump is a
23 more reliable or more preferable way of managing diabetes?

24 A. I feel I have that qualification, yes.

25 MR. GRIFFIN: Do I need to make a motion in this

1 jurisdiction to recognize him as an expert?

2 THE COURT: Yes, yes.

3 MR. GRIFFIN: Then we would ask the Court to recognize
4 Dr. Schatz as an expert.

5 THE COURT: Thank you. Do you want any voir dire?

6 MR. GARDNER: No, Your Honor. Subject to the
7 objections made in the --

8 THE COURT: He may give opinion testimony in his field.
9 Thank you, sir.

10 MR. GRIFFIN: Thank you, Your Honor, very much.

11 BY MR. GRIFFIN:

12 Q. All right. Moving back to the issue at hand, if the FBI
13 says going to medically austere locations like Iraq and
14 Afghanistan, and things that are out in an austere medical
15 environment, what is your opinion of a ban on patients using
16 injection therapy while giving patients on a pump a case-by-case
17 assessment?

18 A. It's the reason I got involved. It makes no sense
19 whatsoever to go on to an insulin pump in a situation like that.
20 An insulin pump is a gadget, it is a machine, and for any of you
21 who have seen an insulin pump, it's subject to potential issues.
22 They're subject to having enough insulin, the battery can fail
23 at any time. I just had a patient in which they couldn't get
24 the battery out to replace it. I just had a young child who was
25 sleeping and the infusion set got kinked, and what happened was

1 that the blood sugar went high because there was no insulin
2 delivery system. Bubbles occur in it; you get leakage; they
3 just come out when you just don't do anything and if you aren't
4 aware of it.

5 And most importantly - and this is a very important
6 thing - is that alarms go off. So if you were to say to me, you
7 know, using a pump in a situation such as that, I would say to
8 you, you're crazy. I would really recommend that you go on
9 injections because of the problems that are associated with an
10 insulin pump.

11 Q. Can you buy parts and supplies for an insulin pump at
12 Walgreen's or CVS?

13 A. No, you can't. You have to order them from a manufacturer,
14 usually, or through a central pharmacy.

15 Q. You mentioned the situation where a kink stops the flow of
16 insulin. Tell the jury what's the consequence, what's the
17 catastrophe that we want to avoid when a pump kink prevents
18 insulin from going into the stomach.

19 A. So I started with a story, which is a true story, of a young
20 girl who had a kink while sleeping, just a tubing kink; didn't
21 get insulin, and the mom called me in the morning to say that
22 her daughter had started vomiting. They had checked the blood
23 sugar at 11:00 o'clock at night and gone to sleep, everything
24 was fine, and woke up in the morning, the child was vomiting.
25 They checked the sugar, the sugar was over 300, and there were

1 large ketones.

2 And that's what happens when you don't have insulin.
3 With large ketones you can get acid buildup in your body, and
4 you can get ketoacidosis and a coma, which can result because
5 you don't have insulin. And this can occur fairly quickly if
6 you don't have insulin.

7 Q. And what happens if you don't manage -- let me ask you this:
8 When a patient has a pump problem, what is the way you give them
9 insulin to treat them?

10 A. So just to let you know what we do, is the first thing we do
11 is immediately we say to the family, give an injection of
12 insulin. And we usually give -- people are different on how
13 they do it. I usually give an injection of regular insulin, but
14 what we then do is because we want to take care of the situation
15 there and then, we make the people change their site because of
16 some potential issues.

17 Because that's the most common. Sites get disrupted
18 very easily, and if the sites get disrupted and it comes out,
19 then you have to change it. They normally say that you change a
20 site every three days, but particularly in times in which
21 there's a lot of sweating or a lot of physical activity, you may
22 have to change that site daily or even more often than once a
23 day.

24 Q. And when you say "site," are you talking about the place on
25 the belly where that infusion set is using adhesive to try to

1 stick on there?

2 A. That is correct.

3 Q. And what is the down side of having to change the site many
4 times a week? What's the problem with that?

5 A. Well, firstly, it's that people don't like it. It's very
6 uncomfortable to have to change it, because it's putting a
7 needle in and then obviously withdrawing it. It has to go in
8 there.

9 Secondly, you can get infections. Each and every time,
10 you still have to pay attention to that.

11 Thirdly, you can hit a blood vessel. And then you can
12 also get areas of what we call lipohypertrophy, areas in which
13 you put it in and the absorption is increased.

14 Q. Dr. Schatz, do you understand the FBI's policy is that they
15 will give a case-by-case assessment only to those patients who
16 are on a pump?

17 A. That makes no sense to me. Each and every person deserves a
18 case-by-case evaluation.

19 Q. Why is it important that people be judged individually as
20 opposed to lumping everybody into one barrel?

21 A. Well, firstly, the course of the disease is so much
22 different. When you talk about Type I diabetes, Type I diabetes
23 is a heterogeneous disease, it means that it affects people
24 differently. Some people have lots of insulin; some people have
25 no insulin. So some of the insulin may be maintained for quite

1 some years afterwards. Those are really easy to maintain, and
2 really anybody could manage that with a simple injection or
3 however way you were going to do things.

4 But also, if you were to make policies in which you
5 were to omit people who were qualified, some of the best people
6 would not be able to get very important positions.

7 Q. Now, I want to ask you, what would happen to that patient
8 who does have high blood sugar when their pump fails if they do
9 not obtain insulin? What's going to happen to them in a matter
10 of a few hours?

11 A. As I explained to you, you're going to get a very high blood
12 sugar. Because insulin is so important in facilitating the
13 uptake of glucose into the cells and preventing the formation of
14 glucose, you're going to get a very high, very high glucose.
15 And because the body wants to see another source of energy, it
16 makes these ketones. And one of the ketones is vinegar, so it's
17 acid. And that accumulates in the blood, and that acid buildup
18 in the blood can lead to a coma and death, if not treated.

19 Q. Okay. So in the absence of insulin by injection, when that
20 pump patient has a failure and is in DKA, the only treatment is
21 insulin?

22 A. That is correct. Insulin and fluids.

23 Q. Insulin by injection, or intravenous?

24 A. It's the same thing. We give insulin intravenously, that's
25 correct.

1 Q. But absent that, the person will die?

2 A. That is correct.

3 Q. Now, can you share with the jury whether or not this ban on
4 people who manage diabetes with injections, like Jeff, whether
5 that screens out people with diabetes?

6 A. Absolutely. As I told you, most people are on injections,
7 and for people who are under very good control, there is no
8 need - in fact, it would be wrong - to switch their therapy.

9 If I make an analogy, because I'm a sports fan, of a
10 baseball player and a 20-game winner in the Major Leagues, like
11 Nolan Ryan, he had an overpowering fast ball and he won 20 games
12 and he was just great. Greg Maddux, on the other hand, had
13 finesse. And you wouldn't change what Greg Maddux was doing to
14 look like Nolan Ryan, because both of them were different and
15 both achieved the same goal.

16 Injections are capable of achieving the same outcome as
17 are pumps in the right individual with the right set of
18 circumstances and support.

19 Q. Let me ask you this: Does this ban have anything -- or let
20 me put it this way: Is this ban a necessity for any occupation
21 in the entire world?

22 MR. GARDNER: Objection. Lack of foundation.

23 THE COURT: Repeat the question.

24 BY MR. GRIFFIN:

25 Q. Let me just ask you this way: Is there any function of any

1 job that would be justified in having a ban on all people on
2 insulin injections?

3 MR. GARDNER: Same objection, Your Honor.

4 THE COURT: Sustained.

5 BY MR. GRIFFIN:

6 Q. Is there any job within your knowledge that Jeff Kapche
7 should be banned as a group of people from performing simply
8 because he manages his diabetes with injections?

9 THE COURT: Counsel, I'm going to sustain the objection
10 to that question in whatever form you ask it.

11 BY MR. GRIFFIN:

12 Q. Let me just ask it this way: Is there any necessity
13 whatsoever for this ban?

14 MR. GARDNER: Objection. Lack of foundation.

15 THE COURT: Sustained.

16 BY MR. GRIFFIN:

17 Q. Do you have the expertise in order to evaluate the necessity
18 of a ban on people who use insulin injections to perform the job
19 of special agent?

20 THE COURT: Look, counsel, Dr. Schatz has answered --
21 already answered your question in any number of ways, and the
22 question -- what you're now doing is building it into an
23 argument. The objection is sustained.

24 BY MR. GRIFFIN:

25 Q. Let me ask you this way: Is pump therapy less reliable in

1 the kinds of environments that we just discussed than injection
2 therapy?

3 A. Definitely.

4 Q. The statement was made by Dr. Yoder that once insulin is
5 injected, you can't take it back. Let me just ask you this:
6 What benefit does injection therapy with Mr. Kapche's insulin,
7 Lantus, have that pumps do not have in a location that the FBI
8 is fearful about?

9 A. That's a very good question. So you have to understand
10 insulin, and Lantus, Glargine insulin, is a 24-hour insulin, so
11 you have an insulin on board for 24 hours which mimics your own
12 body's pancreas' ability to make insulin. So you're making --
13 or you're giving Lantus to replace the basal production of
14 insulin, so you have some insulin on board for a 24-hour period.

15 Now, it's only what I would say is supplemental
16 insulin, extra insulin, a different kind of insulin, that you
17 need when you have to eat, that you have to take what we would
18 call a bolus of insulin. There are many different ways of
19 creating what we call basal-bolus, and certainly injections and
20 the new insulins that we have are certainly capable of doing
21 exactly the same as a pump is doing.

22 Q. Are you against patients using insulin infusion pumps?

23 A. No. In fact, I really advocate for insulin pumps in the
24 right set of circumstances. There are a lot of people who just
25 don't want to take injections, and they like to have a pump

1 where they don't have to keep injecting themselves four, five,
2 six, seven times a day.

3 Q. You have -- have you reviewed many documents in this case?

4 A. Many.

5 Q. Any reason whatsoever for Mr. Kapche to change his therapy?

6 A. Definitely not. When Mr. Kapche's Alc, which is a measure
7 of his glucose, is really in the outstanding control, those that
8 I have seen, and the management that he had seen not only from a
9 point of view of the average blood sugar, but from some records
10 that I've seen in terms of lows and in terms of highs, his range
11 was really outstanding. Based on that evidence, I certainly
12 wouldn't change it at all.

13 Q. And in terms of the pump in austere medical locations, tell
14 the jury what effect water, temperature, and the like have on
15 pumps.

16 A. So the first question relates to temperature, which you
17 asked, and there was actually an article in the British Medical
18 Journal in which a young man from Australia had gone into
19 diabetic ketoacidosis because he had been exposed to high
20 temperatures for a shorter period of time.

21 And so to give you an idea, insulin is a protein, and
22 insulin is denatured by very high temperatures and also by very
23 low temperatures. And what had happened is he had gone out in
24 the sun, and what had happened was he still had insulin, but
25 having been exposed to the sun, the insulin had gone off. It is

1 recommended that the insulin be kept, if it's stored, between
2 two and eight degrees centigrade, 36 to 46 degrees Fahrenheit.
3 If you're exposed to insulin for a long periods of time, it
4 could get denatured.

5 And so that in itself -- what actually happened to this
6 person was that he went into diabetic ketoacidosis because of
7 the high heat and the temperature.

8 Similarly, if you're exposed to very cold temperatures,
9 something similar could happen. That's why it's not recommended
10 that we freeze the insulin.

11 Q. Now, with insulin such as Jeff's insulin, the Lantus insulin
12 we've been talking about, can he skip meals or skip all meals
13 for a day if he wants to?

14 A. Absolutely. So when I say the current therapy that most
15 people would practice is basal-bolus, so he takes a Glargine
16 insulin which would cover his base; the bolus are with those
17 meals that he decides to eat. If he decides to miss breakfast
18 or if he decides to miss lunch, that's okay. He should check
19 his blood sugar and decide what he needs to do, but it's okay
20 for him to skip meals, yes.

21 Q. All right. Now, somebody like Jeff, who is on Lantus with
22 the record that he has, what's his risk of harm had the FBI
23 decided not to revoke the offer made to him?

24 MR. GARDNER: Objection, Your Honor. Lack of
25 foundation.

1 THE COURT: Overruled.

2 A. Very little. There would be no increased risk to himself or
3 to others because his control is so good.

4 BY MR. GRIFFIN:

5 Q. Now, Dr. Schatz, you said a small risk. Do we all as human
6 beings have a small risk that we could have a heart attack
7 during this trial and die?

8 A. Absolutely. We all have a risk going to work. You know,
9 some of us have more risk than others, but there's clearly risk,
10 a risk that we're prepared to assume in the course of our
11 day-to-day jobs and activities.

12 Q. Is that because the risk is so small?

13 MR. GARDNER: Objection. Leading.

14 THE COURT: Sustained.

15 A. That is correct.

16 BY MR. GRIFFIN:

17 Q. Let me ask you this: In terms of the risk of folks who have
18 hypertension, obesity, and things like that, are they at an
19 increased risk --

20 MR. GARDNER: Objection.

21 BY MR. GRIFFIN:

22 Q. -- of heart -- let me finish my question. Of heart attack?

23 MR. GARDNER: Objection. Lack of foundation.

24 THE COURT: I'll allow it. It's argumentative, though.

25 You can do one or two of those, but then we're through.

1 BY MR. GRIFFIN:

2 Q. Yeah, I'm just going to do one, then.

3 In terms of the risk, of those who smoke, have
4 hypertension, and obesity, compared to Jeff Kapche, how do you
5 compare the risk of those versus this man as an individual?

6 A. His risk is very low. But people who have hypertension, who
7 are obese, who smoke, have a greater risk, a far greater risk of
8 having myocardial infarcts, heart attacks, strokes.

9 Q. So was Jeff Kapche a significant risk to anyone or anybody
10 of harm, had he been able to serve as a special agent?

11 A. Not he as an individual.

12 Q. Now, if the FBI brings in a couple of agents to talk about
13 how rough and tumble it is, which therapy is better at being
14 reliable to prevent catastrophe in rough and tumble situations?

15 MR. GARDNER: I'm going to object, Your Honor. One,
16 lack of foundation; two, beyond the scope of Dr. Schatz's expert
17 opinions.

18 THE COURT: Well, I'm not sure about expertise, but I
19 would like a little better foundation and a little more
20 discussion of rough and tumble. I mean, football players
21 doesn't do it for me. There's a doctor on the sidelines, and
22 Gatorade.

23 MR. GRIFFIN: Sure.

24 BY MR. GRIFFIN:

25 Q. Thank you. The jury has heard that Dr. Burpeau certified

1 that he could roll around with a gun, that he could lay in a
2 prone position, that he could tackle people and take subject
3 takedowns. That's the kind of thing I'm asking you about.
4 Which manner of therapy would be more reliable to prevent a
5 catastrophe in that kind of situation?

6 THE COURT: No, I want to know what basis he has to
7 evaluate --

8 BY MR. GRIFFIN:

9 Q. What is your basis for evaluating which would be riskier in
10 that situation, pump therapy or someone on injection?

11 A. Well, the basis is simply contact and contact sports, and
12 understanding the situations in which pumps work well. Pumps
13 work well in a nice environment where there's not a lot of
14 physical contact, where there's a controlled situation. So for
15 really all my sportsmen or anybody involved with any contact, I
16 actually advise them to take off their pump and to consider what
17 we call a pump holiday, and to consider going on injections.

18 Q. Does the insulin Jeff uses approximate and mimic the human
19 pancreas in providing 24 hours of basal insulin for the patient?

20 A. Yes.

21 Q. Is that an advantage of his therapy versus pump therapy?

22 A. It's a definite advantage, because you've got 24-hour
23 coverage; whereas if you were on a pump which only delivers,
24 let's say, insulin by the hour, if you think about the

25 understanding of the insulin, the half-life is very short and it

234

1 only lasts a couple of hours. That's the insulin in a pump.
2 Whereas this is 24 hours. So you have coverage for a much
3 longer period of time.

4 Q. Dr. Schatz, Dr. Yoder said yesterday he didn't know about
5 Lantus, Jeff's insulin. I will ask you this question: How can
6 someone who doesn't know about Jeff's insulin compare that to
7 pump therapy?

8 MR. GARDNER: Objection, Your Honor.

9 THE COURT: Sustained.

10 BY MR. GRIFFIN:

11 Q. Is there ever a necessity of having non-experts overruling
12 experts in diabetes when it comes to evaluating the risks that a
13 specific patient might pose in a given job?

14 MR. GARDNER: Objection, Your Honor.

15 THE COURT: Sustained.

16 BY MR. GRIFFIN:

17 Q. Now, Dr. Schatz, let me ask you this: We've been told that
18 an agent may come and testify to the jury that he has a pump,
19 and that he had a gun pulled and he administered insulin with
20 his other hand because he felt like he needed to. Share with
21 the jury whether that is either appropriate or even possible.

22 MR. GARDNER: Your Honor, objection. Beyond the scope
23 of Dr. Schatz's expert report.

24 THE COURT: Yeah, and I don't know that -- I mean,

25 going back to old fashioned expert stuff, counsel, I'm not sure

235

1 that's the right hypothetical, and I'm going to sustain that
2 objection.

3 BY MR. GRIFFIN:

4 Q. Let me just ask you this. Let me confer and I may be
5 finished.

6 Let me just ask this, to wrap up: What is the effect
7 of a ban on all people on Jeff's therapy in terms of its --
8 well, what effect does it have?

9 MR. GARDNER: Objection, Your Honor. That's not an
10 appropriate question for an expert. It's argumentative.

11 THE COURT: Sustained.

12 BY MR. GRIFFIN:

13 Q. Is there any job in the world that would support a ban on
14 people like Jeff Kapche --

15 MR. GARDNER: Objection. Lack of foundation.

16 BY MR. GRIFFIN:

17 Q. -- just because of their therapy?

18 THE COURT: Sustained, counsel. Sustained. And I
19 think you're done.

20 MR. GRIFFIN: Can we share with the jury this chart?

21 THE COURT: No.

22 MR. GRIFFIN: All right. Your Honor, I'll pass the
23 witness at this time.

24 THE COURT: Thank you.

25 CROSS-EXAMINATION

236

1 BY MR. GARDNER:

2 Q. Good afternoon, Dr. Schatz.

3 A. Mr. Gardner.

4 Q. It's great to see you again.

5 Dr. Schatz, you're not an occupational specialist.

6 Correct?

7 A. I'm a pediatric endocrinologist, but I'm involved with all
8 aspects of people's lives which involve their day-to-day
9 activities. So in terms of occupation, I feel fairly competent
10 in understanding, you know, what most people do and how they
11 relate this, and how I'm able, with my expertise, to pass
12 opinion on what they do.

13 Q. You're not board certified in occupational medicine?

14 A. I'm not board certified.

15 Q. Your primary specialty is childhood diabetes. Correct?

16 A. That is correct.

17 Q. Yeah, and you're board certified in pediatrics and pediatric
18 endocrinology. Correct?

19 A. Yes. But let me explain that most cases of Type I diabetes
20 are found in children.

21 Q. Fine.

22 A. Most of the -- for example, I'm on the CADRE board, and all
23 the therapy for children and adults are entrusted to pediatric

24 specialists to write the guidelines, if you will, for the
25 specialty.

237

1 Q. One of the differences between pediatric diabetes and adult
2 onset diabetes is that children typically require more doses of
3 insulin and find it harder to manage?

4 A. Correct.

5 Q. Now, you testified, I think, that you have approximately
6 12 adult patients. Correct?

7 A. Correct.

8 Q. And those 12 adult patients are patients you treated when
9 they were children. Right?

10 A. Correct.

11 Q. Of your adult patients, of those 12, half of them currently
12 use insulin pumps?

13 A. Correct.

14 Q. And you don't take care of any FBI special agents in your
15 practice. Correct?

16 A. That is correct. But I do take care of policemen.

17 Q. Okay. But I'm asking about FBI special agents, CIA agents.

18 A. No.

19 Q. Federal marshals?

20 A. No.

21 Q. Okay. In fact, Dr. Schatz, you don't take care of any
22 federal law enforcement employees in your practice. Correct?

23 A. That is correct.

24 Q. And you've never done any research into the use of insulin
25 pumps?

238

1 A. At an individual level, no, but --

2 Q. Okay. Thank you.

3 A. -- because I'm a specialist, I read all about it. And my
4 colleagues, who I interact with on a very frequent basis,
5 discuss their findings at scientific meetings in which I'm
6 there. I review their papers for the journals.

7 Q. Those colleagues include people like Satish Garg. Correct?

8 A. That is correct.

9 Q. Bill Tameberlin (ph). Correct?

10 A. That is correct.

11 Q. And the insulin pump, that's not an area of your focus.

12 Correct?

13 A. Of my research focus or of my --

14 Q. Correct. Your research focus, Dr. Schatz.

15 A. That is correct.

16 Q. Now, Dr. Tameberlin, he's an endocrinologist who works at

17 Yale. Is that correct?

18 A. That's correct.

19 Q. And Dr. Garg, he's an endocrinologist and he's a professor
20 at the University of Colorado?

21 A. That's correct.

22 Q. Now, in terms of the documents that you've considered in
23 this case in reaching your opinions, everything you received

24 came from Mr. Griffin. Right?

25 A. That is correct.

239

1 Q. And you never asked Mr. Griffin or anyone else for
2 additional documents. Correct?

3 A. That is correct.

4 Q. Now, Dr. Schatz, it's your opinion or your view that an
5 individualized assessment needs to be done to determine the
6 right therapy for the right individual. Correct?

7 A. That is correct.

8 Q. And your opinion really focuses on the individual and what
9 best meets the individual's needs?

10 A. That is correct.

11 Q. You've never conducted a clinical exam of Mr. Kapche.
12 Correct?

13 A. I have personally not conducted a clinical exam, but I had
14 read the --

15 Q. So the answer is no. Correct?

16 A. -- report of Dr. Burpeau, who is --

17 Q. Dr. Schatz, listen to my question. You've never conducted a
18 clinical exam of Mr. Kapche?

19 A. No, that's correct.

20 Q. In fact, if I'm correct, the first time you actually met
21 Mr. Kapche was this morning coming into court. Correct?

22 A. That is correct.

23 Q. You didn't review Mr. Kapche's deposition in this case.

24 Correct?

25 A. That is correct.

240

1 Q. And you've never spoken to any of Mr. Kapche's family
2 members about Mr. Kapche and his treatment?

3 A. That is correct.

4 Q. Now, despite the fact that you've never spoken to or
5 examined Mr. Kapche, it's your opinion that Jeff Kapche is an
6 extremely well controlled diabetic?

7 A. Absolutely.

8 Q. And when a diabetic tests his blood sugar, he generally does
9 it with a meter. Right?

10 A. That is correct.

11 Q. And that meter gives a reading?

12 A. That is correct.

13 Q. And the reading tells the diabetic what his blood sugars
14 are?

15 A. That is correct.

16 Q. And a diabetic can record those blood sugar numbers over
17 time on a log. Right?

18 A. That is correct.

19 Q. For weeks at a time?

20 A. Yes.

21 Q. And those are called glucose levels?

22 A. Yes.

23 Q. Now, in reaching this conclusion that Jeff Kapche is well

24 controlled, the only glucose logs that you reviewed were from
25 April 1st, 2006, through June 30th, 2006. Correct?

241

1 A. I can't tell you the exact dates, but I saw blood glucose
2 values, yes.

3 Q. Okay. You saw those blood glucose levels over a year after
4 the FBI evaluated Mr. Kapche for medical fitness?

5 A. Time wise, I can't tell you.

6 Q. Let's see if I can refresh your recollection.

7 Dr. Schatz, I want to draw your attention to page 91 of
8 your transcript, and I would like you to look at lines 13
9 through 19 and let me know when you're done. If you can just
10 look up when you're finished reviewing it.

11 A. (Witness complies.) Okay.

12 Q. Can I have that back?

13 A. Yes.

14 Q. Thank you. Dr. Schatz, does that refresh your recollection
15 that you reviewed three months of glucose logs from a period of
16 April 1st, 2006, through June 30th, 2006?

17 A. Glucose logs, but I also --

18 Q. I'm asking about glucose logs.

19 A. Yes.

20 Q. And so that's over a year after the FBI evaluated Mr. Kapche
21 for medical fitness. Correct?

22 A. But I saw hemoglobin --

23 Q. But I'm asking you a question. That's a year after the FBI
24 reviewed Mr. Kapche for medical fitness. Correct?

25 A. Yes.

242

1 Q. Okay. So you've never seen any blood glucose logs for a
2 period of, say, 2005. Correct?

3 A. Glucose logs, no.

4 Q. Okay. We'll talk about the ONCs. We're going to get there,
5 I promise.

6 Dr. Schatz, one measure of blood glucose control is
7 known as the Alc. Correct?

8 A. Correct.

9 Q. Okay. And Alc levels reflect an average blood glucose over
10 a period of time?

11 A. That's correct.

12 Q. Traditionally, what, 30 to 60 days?

13 A. No, 90 to 120.

14 Q. Ah, okay. Good. Even more. So a longer period of time?

15 A. Yes.

16 Q. So it's an average. Right?

17 A. That is correct.

18 Q. So you could have someone with a perfect Alc level that had
19 dramatically low blood glucose levels for the first two months
20 and dramatically high blood levels for the other two months, and
21 it would average out?

22 A. Theoretically, but that's very, very rare.

23 Q. But you've done nothing in this case to confirm whether that
24 was in fact the case with Mr. Kapche.

25 A. I asked the question -- you know, I saw the ranges of the

243

1 blood glucose, and I asked Mr. Griffin whether he had
2 experienced hypoglycemia according to standard definitions, and
3 whether he had experienced hypoglycemia and ketoacidosis. Plus,
4 I read the report of a very qualified FBI physician,
5 Dr. Burpeau, I think is his name, and then I also read the
6 report of Dr. Tulloch, who was his treating physician.

7 And over that period of time, they reported no
8 hypoglycemia, hypoglycemia, and the Alc's that I had seen were
9 all in the same excellent range.

10 So based on those points, I came to the conclusion that
11 he was -- and in fact, if I even remember, another physician
12 saying that he was a role model for people with diabetes.

13 Q. You mentioned Dr. Burpeau being, I think, eminently
14 qualified or well qualified. Can you tell the jury what
15 Dr. Burpeau's qualifications are?

16 A. I thought he was the specialist who had been assigned the
17 evaluation of Mr. Kapche by the FBI.

18 Q. No, my question isn't what he does. You said he's well
19 qualified, eminently qualified. My question is, can you tell
20 the jury what his qualifications are?

21 A. I understand he's an internist.

22 Q. But that's it.

23 Now, Dr. Schatz, it's your opinion that the FBI's
24 policy regarding pump therapy is inappropriate. Correct?
25 A. It's wrong.

244

1 Q. Yeah, it's your view that the FBI's policy is not remotely
2 connected to any ostensible risk of issues on the job?

3 A. That's correct.

4 Q. And in offering this opinion, you aren't considering any
5 particular risk?

6 A. There's no situation --

7 Q. That's not my question. Dr. Schatz --

8 MR. GRIFFIN: Your Honor, he's interrupting the witness
9 mid-answer.

10 MR. GARDNER: Your Honor, I'm entitled to a yes or no.

11 THE COURT: Well, the answer -- he was not even
12 beginning to answer the question.

13 Re-ask the question. Let's get the re-answer.

14 BY MR. GARDNER:

15 Q. In offering this opinion, Dr. Schatz, you were not
16 considering any particular risk. Correct?

17 A. No risk.

18 Q. You don't know exactly what an FBI agent does.

19 A. I had read the reports that we -- in which I think is a four
20 to six-page description, plus I read and would assume that the
21 FBI physician, who in issuing his report --

22 Q. Dr. Schatz, my question to you --

23 MR. GRIFFIN: Objection --

24 BY MR. GARDNER:

25 Q. -- is very simple --

245

1 THE COURT: That I'm going to let him answer. I'm
2 going to let him complete his answer.

3 MR. GARDNER: Okay. Okay.

4 A. And I would assume that the physician was eminently
5 qualified to know the job descriptions of an FBI agent, and in
6 fact, had even put in some very difficult situations which
7 Mr. Kapche was capable of doing that I would suggest that many
8 of us who don't have diabetes are not capable of doing.

9 Q. Dr. Schatz, do you recall a couple of months back you and I
10 got together in Gainesville, Florida to take your deposition?

11 A. That's correct, yes.

12 Q. And you swore to tell the truth. You were under oath the
13 same way you are now. Correct?

14 A. Yes.

15 Q. And you did tell the truth then. Correct?

16 A. Yes.

17 Q. And in fact, I asked you, if you don't understand any of my
18 questions, you be sure to let me know. Do you recall that?

19 A. That is correct.

20 Q. Let's take a look at that deposition.

21 Now, I would like you to take a look, if you would,
22 Dr. Schatz, at page 68 of your deposition.

23 A. (Witness complies.) Okay.

24 Q. And Dr. Schatz, are you with me on page 68?

25 A. That's correct.

246

1 Q. I would like to look at line one, and you were asked the
2 following question: "Let me make sure I understand. Is it your
3 opinion in this case that you analyzed the job requirements for
4 an FBI special agent in reaching your conclusions?"

5 A. No.

6 Q. Answer: "I don't know exactly what an FBI agent does
7 per se." Did I read that correctly?

8 A. That's correct.

9 Q. Now, in addition to not knowing exactly what an FBI special
10 agent does, you don't know with any sort of specifics what a
11 deployed agent in the field does. Correct?

12 A. Never having been in the case, the answer is no. But I can
13 just imagine very dangerous situations.

14 Q. You don't know if there's such a thing as a typical day for
15 an FBI special agent?

16 A. I think they're variable. I don't know.

17 Q. And Dr. Schatz, in rendering your opinions in this case
18 about the job functions of an FBI special agent, you relied upon
19 a job position description that plaintiff's counsel provided to
20 you. Correct?

21 A. Yeah. Yes. And -- yes.

22 Q. And that job position description is from 1978. Correct?

23 A. I didn't look and see what the dates were on the
24 description.
25 Q. All right. Let 's see if I can refresh your recollection.

247

1 And Dr. Schatz, I would ask you to take a look at that
2 very first page, and you'll see some dates at the bottom, and
3 let me know when you take a look at it.

4 A. I'm sorry, which? These dates there?

5 Q. That's correct, those dates right there.

6 A. Yes.

7 Q. Dr. Schatz, does that refresh your recollection that this
8 job position description that plaintiff's counsel provided to
9 you is from 1978?

10 A. Yes.

11 Q. So it's a 32-year-old job position description. Correct?

12 A. That's correct.

13 Q. Okay. And this job position description is the sole basis
14 for your knowledge about what an FBI special agent does?

15 A. I again assumed that the FBI -- that the physician knew more
16 than I did when he certified --

17 Q. My question to you, Dr. Schatz, is: This job position
18 description from 1978, which is 32 years old, is the sole basis
19 for your knowledge about what an FBI special agent does.
20 Correct?

21 A. Correct.

22 Q. And you don't know whether this job position description

23 encompasses the entirety of job responsibilities for an FBI
24 special agent?

25 A. There is no situation in which --

248

1 Q. My question to you, Dr. Schatz --

2 MR. GRIFFIN: He's interrupting the witness.

3 THE COURT: Counsel, come to the bench, please.

4 (BENCH CONFERENCE ON THE RECORD.)

5 THE COURT: First of all, let me repeat the impeachment
6 lecture. That wasn't impeaching. There should have been an
7 objection.

8 And secondly, you need to calm down. You're
9 interrupting this guy. You ask him a question -- I don't think
10 you're making any points with the jury by shutting him up and
11 shutting him up and shutting him up. Calm down. Quiet down.
12 Take it easy.

13 MR. GARDNER: My concern is he has to get on a plane --

14 THE COURT: You let him worry about his plane. You can
15 keep him here as long as you want to --

16 MR. GARDNER: That's all I needed to hear, Your Honor.

17 THE COURT: -- to get your cross.

18 MR. GARDNER: I didn't want to get into a position like
19 I did with Dr. Yoder.

20 THE COURT: But slow down. You're too wired.

21 MR. GARDNER: Okay. I will. I was just concerned
22 about time. That's fine.

23

(END BENCH CONFERENCE.)

24

BY MR. GARDNER:

25

Q. Dr. Schatz, you don't know whether this job position

249

1 description encompasses the entirety of job responsibilities for
2 an FBI special agent?

3

A. No.

4

Q. And you haven't conducted any qualitative or quantitative

5

analysis in this case as to the various risk factors for

6

Jeff Kapche as an FBI special agent?

7

A. Could you please repeat your question?

8

Q. You haven't conducted any qualitative or quantitative

9

analysis in this case as to the various risk factors for

10

Jeff Kapche as an FBI special agent?

11

A. Well, there's no situation that a pump would be mandated, so

12

I don't understand the focus of your question. There's no

13

situation that I can think of that would preferably have a pump

14

over an injection.

15

Q. And in reaching that conclusion, Dr. Schatz, you didn't

16

conduct any qualitative or quantitative risk analysis. Correct?

17

A. No. But it's a clinical decision about reliability and

18

dependability.

19

Q. Uh-huh. And it's fair to say that you're not offering an

20

opinion that you analyzed the job requirements for an FBI

21

special agent in presenting your opinions in this case?

22 A. I thought I answered that question. No.

23 Q. Okay. Now, in conducting your analysis in this case,

24 Dr. Schatz, you reviewed articles and literature that compared

25 pump therapy to insulin injection therapy?

250

1 A. I've read some articles, yes.

2 Q. I think you mentioned one of them in direct. And

3 specifically in reaching your opinions in this case, you looked

4 for articles that addressed the superiority of one therapy over

5 another?

6 A. No, that's not true. I looked at -- the reason that --

7 well, two things. Firstly, I was asked to provide a list, and

8 the reason for a list was that one of the doctors, Dr. Crantz, I

9 believe, had said there wasn't much research on injections and

10 pumps. And I provided that list for them.

11 What I will say to you is that I look at this all the

12 time. Pump therapy is good therapy, injection therapy is good

13 therapy, and for the individual case we make a decision based on

14 what is best for that particular patient.

15 Q. And Dr. Schatz, in conducting your research in this case,

16 you found some studies that suggested that pumps are superior,

17 and some studies that suggested injection therapies are

18 superior?

19 A. And invariably those are longer term studies which don't

20 apply to a real day-to-day variation. Those are mostly longer

21 term outcomes, correct.

22 Q. It's fair to say that studies go both ways on the issue of
23 pump superiority?

24 A. Absolutely both ways, like most of medicine.

25 Q. And earlier you mentioned that your colleagues were the real

251

1 authorities in pump therapy. Correct?

2 A. I have some colleagues who are authorities too, who have
3 done a lot of work with pumps. That is correct.

4 Q. And Dr. Schatz, you're aware that in late 2004, Dr. Garg and
5 Dr. Tameberlin actually disagreed as to whether multiple daily
6 injection therapy using Glargine was equivalent to an insulin
7 pump in terms of achieved A1c's?

8 A. I disagree with my colleagues all the time, even in our --
9 clinically we have differences of opinion, and it's based on
10 experience. They're both very good under the right
11 circumstances for the right individual. I agree with what
12 you're saying.

13 Q. And I just want to make sure. But you are aware that two
14 experts in the field actually disagreed --

15 A. Absolutely.

16 Q. -- as to which therapy is superior?

17 A. Again, those are longer term outcome studies and they've
18 never been done on a day-to-day basis. But realizing that there
19 are more problems with a pump on a more short-term basis,
20 correct.

21 Q. Now, your view is that the A1c is the golden yardstick of

22 diabetic control?

23 A. That is correct.

24 Q. And in those studies that conclude that the pump is

25 superior, one reason given is because there's a lower frequency

252

1 of hypoglycemia. Correct?

2 A. That's one of the reasons, correct.

3 Q. Okay. And hypoglycemia means low blood sugars?

4 A. That is correct.

5 Q. And another reason that these studies say the pump is

6 superior is because of increased flexibility. Correct?

7 A. Compared to what, is my question.

8 Q. Compared to injection therapy.

9 A. No, that's not true.

10 Q. Dr. Schatz, I would like you to take a look at your

11 deposition --

12 A. Okay.

13 Q. -- and I would like to focus you on page 47, line 25, to

14 page 48, line 14.

15 A. I'm sorry, line?

16 Q. Page 47.

17 A. Okay. I'm sorry.

18 Q. And I want you to look at the last line, line 25, and I want

19 you to look at page 48 and I want you to go down to line 14.

20 And just let me know when you're done.

21 A. (Witness complies.) Okay.

22 Q. Dr. Schatz, do you recall that one of the reasons in the
23 studies that say the pump is superior is because the pump has
24 increased flexibility?

25 A. Again, I want to perhaps explain to you what I understand by

253

1 flexibility. Flexibility is a relative term. And really, we're
2 actually, in Mr. Kapche's case, really not talking about
3 flexibility, we're talking about reliability and we're talking
4 about dependability. But flexibility is a very variable term.

5 So for my kids who don't want to have to take shots,
6 that's flexible. In other words, they don't have to take the
7 shots, they have a pump. It's there and there; it is more
8 convenient, it is flexible. I also understand flexibility is
9 when you want to eat, you can then just dial up a dose. But you
10 can do that also with MDI.

11 Now, in the older therapies, pre-2002, where we didn't
12 have Glargines and therapies, we couldn't really do that. But
13 now with Glargine, which mimics the pump, we can do that.

14 So I think that it's flexibility certainly compared to
15 what we used to do in the past, but if you're comparing it to
16 what I would say is what we think about now for diabetes, which
17 is we anticipate, we compensate, that can be done equally as
18 well with a pump as with an injection.

19 Q. Let me break down that answer a little bit. You talked
20 about the older insulins. You're referring to things like MPH?

21 A. That's correct.

22 Q. So you agree that as compared to insulin injection therapy
23 using MPH, the pump was considered to be more flexible?
24 A. That's correct.
25 Q. Okay. And even using Glargine for injecting insulin versus

254

1 pumps, you agree it's more flexible in terms of you don't have
2 to take multiple shots a day. Correct?
3 A. Well, if it's for the person -- but again, it could be more
4 inflexible. Because I can give you examples of children and
5 adults who just don't want to be hooked up to a system on a
6 day-to-day basis, and some people we do a good screening
7 process, but even within a month of putting someone on a pump,
8 they just don't want to be hooked up to it. So therefore it's
9 inflexible and they went off the pump.
10 Q. Dr. Schatz, are you aware of studies that indicate that
11 insulin pump therapy leads to better control than multiple daily
12 injections?
13 A. Yes. But again, it relates to who is doing the study. If I
14 tell you that a drug company was doing the study who makes the
15 insulin pump, they will always show that the pump is superior.
16 If the consultant is on the books of a drug company, it is more
17 likely that those studies are going to be shown to be more
18 positive. It depends who is doing the studies, it depends what
19 the management is. So, for example, the key to really good
20 therapy is frequent contact between a doctor, his health care
21 team, and the patient. And if this can be done equally as

22 effective with a pump as with an injection, you'll have the same
23 outcome.

24 On the other hand, for example, most people who are on
25 pumps are in more frequent contact, so if you actually analyze

255

1 the number of contacts, you would find that. At a diabetes camp
2 that a colleague of mine had done, just checking blood sugars
3 more frequently was associated with better outcome. So there
4 are many, many variables that we look at in these analyses.

5 Q. Dr. Schatz, I need you to listen to my question. I'm trying
6 to make this pretty simple.

7 You're aware that there are studies that indicate that
8 insulin pump therapy leads to better control than multiple
9 injections. Correct?

10 A. I just answered that, "Yes, but."

11 Q. And you agree that when an insulin pump is properly working,
12 the use of an insulin pump eliminates the need for individual
13 insulin injections. Right?

14 A. If it's used in the right place under the right
15 circumstances, the answer to that is, if you have a pump, you
16 certainly don't need to take injections, correct.

17 Q. You talked at the outset about the risks associated with an
18 insulin pump failing. Correct?

19 A. That is correct.

20 Q. You agree that the risk of pump failure is minuscule in the
21 hands of a well-managed patient?

22 A. In a life in which probably you and I lead, but without much
23 physical contact, without being put into very difficult or
24 dangerous situations, which I could imagine for an FBI agent or
25 Mr. Kapche, answer to that would be yes, on a day-to-day basis

256

1 without that much activity. Yes.

2 Q. And actually, Dr. Schatz, take it one step further. By
3 well-managed, you mean a patient who has good blood glucose
4 control, has good A1c levels, and has learned from his
5 experiences and knows how to manage himself?

6 A. That is correct.

7 Q. So in that circumstance, with that kind of patient, the risk
8 of pump failure is minuscule. Correct?

9 A. It depends on the environment. No, that's not true. I have
10 very good people who in a sweaty environment don't know how to
11 manage it, and then have problems. And we have to make some
12 changes.

13 Q. Dr. Schatz, I've handed you your expert report in this case.
14 You recognize it. Correct?

15 A. That's correct.

16 Q. And I would like to take your attention to the second page.

17 A. Okay.

18 Q. And I would like to take your attention to the
19 second-to-last paragraph in your second page of your report.

20 A. Okay.

21 Q. Dr. Schatz, did you offer the opinion that, "While the risk
22 of pump failure is minuscule in the hand of the well-managed
23 patient"? Is that what you said?
24 A. Yeah, but I didn't comment on the situation. That's
25 correct, that's an admission.

257

1 Q. And you still agree with that opinion. Correct?
2 A. It depends on the situation.
3 Q. You didn't qualify the situations in which the risk of pump
4 failure was minuscule in your report, though?
5 A. I did not.
6 Q. Now, just as there may be instances of pump failure, you
7 agree that with injection therapy there could be leakage?
8 A. Yes.
9 Q. You could hit a blood vessel?
10 A. That is correct.
11 Q. You could give the wrong dose?
12 A. But that's the same thing with a pump.
13 Q. I'm just asking you.
14 A. Yes.
15 Q. You could give the wrong dose.
16 A. Yes.
17 Q. By the way, are you aware of some of the safety features
18 that a pump has?
19 A. In terms of insulin delivery and alarms? Yes.
20 Q. Let's talk about some of those alarms. Can you describe for

21 the jury the types of alarm systems that pumps have to prevent
22 the kind of the situations you were talking about?
23 A. Yeah. An alarm, if there's not enough insulin that's going
24 in, the alarm may go off. It may be either a beep or it may be
25 a vibration. So that typically can happen.

258

1 If the battery is not working, it can alarm, that's
2 correct. But we have alarm failures, too.
3 Q. Uh-huh. You're not aware of any studies showing the
4 incidence of alarm failures on insulin pumps?
5 A. I can't, but I will tell you that, for unknown reasons,
6 companies do withdraw pumps.
7 Q. You mean pump recalls?
8 A. I'm sorry?
9 Q. You mean pump recalls?
10 A. Yes.
11 Q. By the FDA?
12 A. By the companies.
13 Q. Sure. Are you aware that the FDA has also recalled recently
14 certain syringes used for injections?
15 A. Yes. I think it was a short-acting delivery system.
16 Q. Okay. Now, you mentioned that with Lantus, with
17 Jeff Kapche's insulin regime, he can skip meals. Correct?
18 A. That is correct.
19 Q. Are you aware that Mr. Kapche has never skipped a meal using
20 Lantus?

21 A. I don't know. As you pointed out, I've never met Mr. Kapche
22 until today. But I talk about my experience with other -- many
23 of my patients who are on Glargine insulin. It's what I tell
24 people, it's what I tell my university students who do all kind
25 of the things with the most erratic schedules, that you can eat

259

1 whenever you need to, or you don't need to eat as long as you
2 have a basal insulin and you're checking your blood sugars.

3 Q. And Dr. Schatz, by the way, as an expert endocrinologist,
4 what would your expectation be as to the blood glucose level of
5 a diabetic who uses Lantus, who uses that Lantus in the evening,
6 if you were to test his blood glucose first thing in the
7 morning, so he's had no meals, what would you expect his blood
8 glucose to test at?

9 A. My target blood sugar is, so I can explain this to you is,
10 70 to 120, 125. A definition of diabetes is a fasting blood
11 sugar above 125. So we want to keep it in the nondiabetic
12 range. We can take 70 to 125. We try it as best as we possibly
13 can.

14 Q. My question was slightly different. My question is, what is
15 your expectation for an individual who takes that Lantus, that
16 long-acting insulin in the evening, wakes up in the morning,
17 first thing he does is, he tests his blood sugar.

18 A. Yes.

19 Q. What would you as an expert in diabetes expect that blood
20 sugar to look like for a well-controlled diabetic?

21 A. Between 70 and 120 would be excellent.
22 Q. Are you aware that Jeff Kapche, when he went to Dr. Burpeau,
23 tested at a fasting blood sugar of 204?
24 A. Oh, very possible.
25 Q. Uh-huh. So you're aware of that fact?

260

1 A. I wasn't aware of that fact, but it's very possible because
2 of the -- 204 is not very bad. You know, again, we look at the
3 trend, and I say that 204 is really not bad at all.
4 Q. 204, though, is the beginning of, by definition,
5 hypoglycemia. Correct?
6 A. Well, it depends what you mean by hypoglycemia. A
7 definition of diabetes is a blood sugar in the fasting state of
8 more than 125, and in the nonfasting state of over 200. But 204
9 is really not terrible.
10 Q. Didn't ask if it was terrible. I said that 204 is
11 indicative of hyperglycemia. Correct?
12 A. Hyperglycemia.
13 Q. Hyperglycemia.
14 A. That's correct.
15 Q. And by the way, that 204 is essentially Jeff Kapche not
16 eating for 10 hours. Right?
17 A. Yeah.
18 Q. So we know, then, that when Jeff Kapche takes that Lantus,
19 and we say it can cover meals, he's actually in a state of
20 hyperglycemia eight hours out. Right?

21 A. Yeah, but 204 is okay. If you look at the average, for many
22 of the people -- I would say, if you look at 99 percent of all
23 people with diabetes, you have blood sugars above 200, at least
24 at one or two stages of the day. With these new sensors, these
25 machines, you find that the variation is tremendous. And you

261

1 will find that the more you check, the more you will find blood
2 sugars of over 200.

3 Q. You don't know if the blood glucose machine that was used to
4 test Jeff Kapche during the medical fitness exam for the FBI was
5 in error. Right?

6 A. Don't know. That's correct.

7 Q. Okay. By the way, Dr. Schatz, do you agree that it's
8 responsible for an employer to consider safety first. Correct?

9 A. Premium, absolutely.

10 Q. And that's true for an employer to consider for both
11 applicants as well as other employees. Right?

12 A. That is correct.

13 Q. Now, you also agree, based upon the articles you reviewed in
14 formulating your opinions in this case, that as a general
15 matter, the use of a pump often improves A1c levels relative to
16 injection therapy. Correct?

17 A. No. There are articles on both sides of the fence, and it
18 depends what you're comparing to and who did the study.

19 Q. Well, let me see if I can break it down. You agree there
20 are studies that show that, as a general matter, the use of a

21 pump often improves Alc levels relative to injections?

22 A. I think over a period of time, in some cases yes, in other
23 cases, no, and in other cases they're equivalent.

24 Q. Again, the studies kind of go both ways?

25 A. That is correct.

262

1 Q. And as a general proposition, using an insulin pump can
2 result in fewer large swings in blood glucose levels as compared
3 to injection therapy?

4 A. The answer to that is no. Compared to conventional therapy,
5 where we used MPH and regular insulin, we now have a system that
6 mimics the pump, Glargine, and the insulin that you give with
7 the injection is exactly the same as the insulin that you give
8 in the pump, so that the swings are not as great as we used to
9 see.

10 Q. On MPH, you would agree with that statement?

11 A. On MPH. Although I haven't analyzed it, but I would surmise
12 that that's the case.

13 Q. By the way, the human pancreas, does that produce
14 short-acting insulin or long-acting insulin?

15 A. It produces insulin.

16 Q. And it doesn't produce insulin that lasts for 24 hours.
17 Right? It continuously produces insulin over the course of the
18 day?

19 A. That's correct.

20 Q. Like an insulin pump?

21 A. You've got to understand that there's two kinds of the
22 insulin. There's a basal insulin, and then when you and I eat
23 who don't have diabetes, then what happens is, is that the
24 insulin goes up. But even the injections and the pump that we
25 do that does not mimic exactly what happens in the pancreas. We

263

1 try do that, but we're not successful. That's why it's a
2 treatment, not a cure.

3 Q. Lantus, which Jeff Kapche uses, that's the long-acting
4 insulin. Correct?

5 A. Correct.

6 Q. And the human pancreas, because it emits insulin throughout
7 the course of a day, is more similar to how an insulin pump
8 works than taking a 24-hour shot. Correct?

9 A. No, it's the same. Because really, what you're giving is
10 the basal insulin. The pancreas, the insulin-producing beta
11 cells produce insulin to prevent certain metabolic processes.
12 You mimic that either by giving the short-acting basal insulin,
13 a pump, or by giving a 24-hour insulin such as Glargine is.

14 Q. The Glargine insulin, that's called a square insulin.
15 Correct?

16 A. Correct.

17 Q. Meaning that it peaks, it stays constant, and then
18 ultimately goes down. Correct?

19 A. I think that's a little thing. It's misleading to say it
20 peaks. It doesn't have a peak. If you think about it, what

21 happens is, is that when you -- the basal concentration is
22 pretty much same as the pancreas. So once you give it, the
23 absorption is very rapid, there's not much that affects the
24 absorption. Then it just remains like that. And that's why
25 it's such a great insulin, because it doesn't have this peak.

264

1 It remains fairly constant.

2 Q. So in other words, it remains fairly level. Correct?

3 A. That's right.

4 Q. Now, the human pancreas doesn't emit just a level amount of
5 insulin. Correct? It varies throughout the day?

6 A. It's an oscillation. If you were to measure the various
7 things -- but it depends how often you measured it. But you
8 would see that overall, it's a constant. If you measured the
9 individual oscillations with high-technology stuff, you would
10 see, in fact, yes. There's some variations.

11 Q. So unlike the Lantus, which is straight line, the human
12 pancreas actually does go up and down in terms of the production
13 of insulin?

14 A. That is correct.

15 Q. And the insulin pump can mimic or track that up and down.
16 Correct?

17 A. Absolutely not. If you look at the pulses of insulin, they
18 occur on a second, minute-by-minute basis. Pumps don't do that.
19 I want you to understand that what a pump does is that you give
20 insulin. And what happens is, even with a pump, it starts

21 working in 10 to 20 minutes, peaks at about an hour, and then
22 lasts over two to three hours. You don't see any of these
23 pulses that you occur in terms of the pump.

24 So no, we try and look at the stuff, but we certainly
25 don't mimic.

265

1 Q. Sorry, I should have said it more closely mimics the human
2 pancreas than just a straight line. Correct?

3 A. No.

4 Q. Oh, that's not correct?

5 A. No. Because you're giving a basal insulin over a 24-hour
6 period, whereas with Glargine insulin, and you're giving a basal
7 insulin over a 24-hour period with short-acting insulin.

8 Q. Dr. Schatz, do you agree that using an insulin pump makes
9 diabetes management easier in the sense that if your blood
10 glucose level is high or you feel like eating, you figure out
11 how much insulin you need and push a button. Correct?

12 A. Compared to what? And I said to you before, if you manage a
13 person with diabetes such as Mr. Kapche, we anticipate, we
14 compensate. We can do that either by programming it in and
15 giving an injection, or we can dial it into the pump and we can
16 give a bolus through the pump.

17 Q. So let's take MPH, because that might be easier for you. Do
18 you agree that using an insulin pump makes diabetes management
19 easier, as compared to MPH injection, and that if your blood
20 glucose level is high, you feel like eating, just figure out how

21 much insulin you need and you push a button on a pump?

22 MR. GRIFFIN: Object to the relevance.

23 THE COURT: I'll allow it.

24 BY MR. GARDNER:

25 Q. Is that correct?

266

1 A. I would say MPH yes, and it depends on the kind of insulin

2 you're working with. But overall, yes.

3 Q. And you mentioned this earlier. This whole advent of

4 Glargine insulin really came up in the U.S. markets in 2002.

5 Correct?

6 A. I think it's around then, yes, 2002.

7 Q. And --

8 THE COURT: You about through, Mr. Gardner?

9 MR. GARDNER: No, unfortunately I'm not. It's taking
10 longer than I would have liked. Sorry. We can break for lunch.

11 THE COURT: We will break for lunch.

5 THE COURT: All right, Mr. Gardner. You may continue your
6 cross-examination of Dr. Schatz.

7 MR. GARDNER: Thank you, Your Honor.

8 CONTINUED CROSS-EXAMINATION OF DESMOND SCHATZ, M.D.

9 BY MR. GARDNER:

10 Q. Welcome back, Dr. Schatz.

11 Dr. Schatz, you testified on direct examination that the
12 government's expert, Dr. Crantz, failed to present a balanced
13 view of the literature regarding the relative benefits between
14 pump therapy and injection therapy. Do you recall that?

15 A. That's correct.

16 Q. Okay. And in fact, Dr. Schatz, you've identified 11
17 different studies that you believe support your conclusion,
18 correct?

19 A. The reason for providing that group of studies was to
20 fulfill a request, I think, by Dr. Crantz, that there wasn't
21 much literature available at the time that he came to his
22 conclusion. So, I did just an evaluation of the literature and
23 I provided some of the studies which basically said that in fact
24 there were studies that had confirmed the relative effectiveness
25 of pump and insulin injection therapy.

Scott L. Wallace, RDR, CRR, Official Court Reporter

1 Q. And that list of studies is accurately reflected right
2 here in these 11 --

3 A. This is just a partial list of the very many studies that
4 have been done.

5 Q. These are the 11 you identified?

6 A. That's correct.

7 Q. Okay. I would like to go through some of these studies
8 with you, Dr. Schatz, if this stays up.

9 Now, as an initial matter, studies 3 and 4 that you
10 identified are actually the same study, right?

11 A. Okay.

12 Q. So there's a duplicate here. We're down to 10 studies,
13 right?

14 Is that correct?

15 A. Yes.

16 Q. Okay. And of those ten studies, numbers 5, 6 and 10 --
17 so 5, 6, and 10 are pediatric studies, correct?

18 A. Correct.

19 Q. They don't deal with adults with diabetes, correct?

20 A. That's correct.

21 Q. Okay. So 5, 6 and 10 --

22 By the way, Dr. Schatz, none of the studies you identify
23 here deal with the relative benefits between pumps and
24 injections in a law enforcement setting, correct?

25 A. There are no studies.

 Scott L. Wallace, RDR, CRR, Official Court Reporter

 (202)354-3196 * scottlyn01@aol.com

297

1 Q. There are no studies that do that, correct?

2 A. No. They're impossible to do.

3 Q. Why are they impossible to do?

4 A. For several reasons. Firstly, to do a study
5 scientifically often requires a randomization. I could never
6 randomize a person to a pump because I believe it's the wrong
7 thing to do, number one. Number two is it's impossible to then
8 switch in a situation a person from one therapy to another in a
9 dangerous situation because the dangers are very, very
10 different. And thirdly, a pump company would never do it
11 because they realize that they may be lacking.

12 So this study has no chance ever of being done. So, I
13 provided a list to show that in fact the insulins had been used
14 and in fact there were studies that were done.

15 Q. And just to get this out of the way, of these 11
16 studies -- I guess 10 with the one duplicate that you
17 identify -- none of these are done by pump manufacturers,
18 correct?

19 A. One has to be very careful as to -- and you probably
20 realize this -- as to how many of the studies in which
21 investigators receive honoraria or in fact have spoken for the

22 companies. I haven't done an analysis, but I will tell you that
23 there are some people on that list who do receive honoraria from
24 pump companies.

25 Q. And because they receive honoraria, are they basically

Scott L. Wallace, RDR, CRR, Official Court Reporter

(202)354-3196 * scottlyn01@aol.com

298

1 skills for the pump; is that your testimony?

2 A. I certainly don't want to talk about that, but I would

3 say, you know, there may be an element of bias.

4 Q. And just -- out of curiosity, of these ten studies you
5 identify, can you point out for me who you think are the biased
6 researchers that you've identified?

7 A. I would prefer not to do that.

8 Q. Well, I'm asking you. Unless, of course, none of them
9 are biased and then that way, we can move on.

10 A. I think that as a group, I can't say that. But what I
11 would say is I don't know which of the investigators have
12 received honoraria for the people, so I can't say as
13 individuals.

14 Q. Okay. So it's not your testimony -- I just want to make
15 sure I understand this -- that of these 11 studies, any of these
16 studies were performed by, conducted by skills for the pump
17 companies, correct? That's not your testimony?

18 A. No. I think that each of them -- you know, when you
19 write a paper or I write a paper, I say "supported by grants
20 from the National Institute of Health" or "from the American
21 Diabetes Association." I would have to look at each one to see

22 "supported in part by a grant from Medtronic" or supported in
23 grant -- I haven't done that analysis.

24 Q. And by the way, of those studies related to children,
25 study 6, this study right here, that study actually stated that

Scott L. Wallace, RDR, CRR, Official Court Reporter
(202)354-3196 * scottlyn01@aol.com

299

1 pumps provide a closer approximation of normal insulin profiles
2 and increased flexibility regarding the timing of meals and
3 snacks as compared to injections, correct?

4 A. That's what that paper says, correct.

5 Q. Okay. And you don't disagree with that, do you?

6 A. I -- well, again, we're back to what we talked about
7 before. I personally disagree --

8 Q. Okay.

9 A. -- because, you know, there's papers and there are other
10 papers which show that that's not correct.

11 Q. Okay. So, in other words, this, again, represents an
12 example of you disagreeing with another researcher as to an
13 issue that is subject to scientific dispute, correct?

14 A. Firstly, the answer to that is yes. And many of those
15 are longer term effects on hemoglobin A1c and, as you point out,
16 do not relate to an acute situation, a dangerous situation or
17 Mr. Kapche's involvement in what, you know, he had applied for.

18 Q. Can you identify for me of those ten studies, again
19 taking out the one for duplication, do any of these relate to
20 the evaluation of pumps versus injections in a dangerous
21 setting?

22 A. None.

23 Q. None of them do, right?

24 A. Correct.

25 Q. Okay. Now, study 6, by the way, also indicates that

Scott L. Wallace, RDR, CRR, Official Court Reporter
(202)354-3196 * scottlyn01@aol.com

300

1 pumps have been shown to result in improved metabolic control
2 and reduced frequency of hypoglycemia, correct?

3 A. In that particular paper, correct.

4 Q. Now, of the seven remaining studies -- so we're down to
5 seven now, correct?

6 A. Okay.

7 Q. Yes?

8 A. Okay.

9 Q. Okay. My math might be off. I need you to check me.
10 Of the seven remaining studies, two of them, numbers 3
11 and 11, are abstracts, correct?

12 A. Yes. Yes.

13 Q. Okay. And abstracts are not generally subject to peer
14 review, correct?

15 A. It depends what you mean by "peer review." Let me give
16 you an example of what peer review is.

17 I review and I have to select abstracts for several
18 organizations, the Society For Pediatric Research, the Endocrine
19 Society, American Diabetes Association. What happens is that
20 the abstracts are sent to the reviewers. They're a group of six
21 or seven reviewers. And in fact, what happens is they are rated

22 as A, B or C in terms of presentation or whatever it is. So,
23 they have got some degree of peer review, but not in the sense
24 of a publication such as that.

25 Q. Okay. And you don't know the extent of the peer review

Scott L. Wallace, RDR, CRR, Official Court Reporter

(202)354-3196 * scottlyn01@aol.com

301

1 for articles 3 and 11 on your list, correct?

2 A. That's correct.

3 Q. Okay. Now, I think we're down to about five or so, I
4 think. Of the five remaining studies, Dr. Schatz, numbers 1 and
5 2 are after the FBI revoked Mr. Kapche's conditional offer,
6 correct?

7 A. I'm just looking at the dates.

8 Q. I can give you the dates.

9 A. 2005 is the one that I -- 2005, yes. So two for sure.
10 Number 1 is incorrectly printed.

11 Q. Okay. I just took it from what you gave us.

12 So, 1 and 2 were published after Mr. Kapche's conditional
13 offer was revoked, correct?

14 A. Yes.

15 Q. So, the FBI could not have considered studies 1 and 2 at
16 the time that it was considering Mr. Kapche for employment,
17 correct, unless they had a way back machine.

18 By the way, study 1, actually concluded that pump therapy
19 provides better glycemic control than injections using glargine,
20 correct?

21 A. That is correct.

22 Q. Now, study 2 on this list noted that previous studies
23 comparing pumps to injections in Type 1 diabetics found either
24 comparable outcomes or actually favored pumps, correct?

25 MR. GRIFFIN: Objection to the misrepresentation of the
Scott L. Wallace, RDR, CRR, Official Court Reporter
(202)354-3196 * scottlyn01@aol.com

302

1 exhibit. He said Type 1. The exhibit says Type 2.

2 BY MR. GARDNER:

3 Q. All right. Dr. Schatz, do you know whether this study
4 actually dealt with Type 1 and Type 2?

5 A. I'd have to read -- I want you to understand why I
6 provided that list. I didn't provide this as the best articles.
7 I didn't provide it in the sense of evaluating effectiveness. I
8 provided it because the statement was made that there were very
9 few, if any, publications that were done. I didn't look -- I
10 could have provided several more publications and I'm sure I can
11 still do that.

12 Q. But you didn't, correct?

13 A. I just provided a few.

14 Q. Just provided a few. And that's what we're talking
15 about, just these few that you provided, based upon your
16 expertise.

17 Am I correct, though, that study 2 noted the previous
18 studies comparing pumps to injections in diabetics found either
19 comparable outcomes or actually favored pumps?

20 A. Again, who have they been compared to? To patients on
21 older insulins, NPH insulins; that is correct.

22 Q. Although you also just acknowledged that there are also
23 studies on this list that even compare to glargine and pumps are
24 found to be superior?

25 A. And again, it's a longer term outcome; that is correct.

Scott L. Wallace, RDR, CRR, Official Court Reporter
(202)354-3196 * scottlyn01@aol.com

303

1 Q. Okay. Now, on the three remaining studies -- and I hope
2 my math is right here; yes, my math is correct; that's why I
3 went to law school -- 7, 8 and 9 -- of those three remaining
4 studies, study 7 concluded that pumps improve blood glucose
5 variability when compared to injections using glargine, correct?

6 A. You have to show me.

7 Q. I'm sorry. I'm sorry. Number 7.

8 A. Yes.

9 Q. Okay. So that's another study that showed that the pumps
10 were superior when compared to injections using glargine,
11 correct?

12 A. I want to say yes, but I please want you to understand
13 that there are studies and there are scientific regal in terms
14 of how it is and how the study is done, who the physicians are,
15 how the blinding is done, what outcome you want, who wants to do
16 it. And I think you have to examine it. You can't just look at
17 a title or look at a conclusion. It's the science that's
18 involved.

19 It's like where do you publish? You know, don't say that
20 the New England Journal is always the best, but where are they
21 done? And I'm simply saying to you that you have to look at

22 this to be critical and evaluate.

23 But I don't disagree. Pumps are effective therapy. I
24 don't disagree with that. But so are injections.

25 Q. I understand your view, Dr. Schatz. I guess I'm

Scott L. Wallace, RDR, CRR, Official Court Reporter

(202)354-3196 * scottlyn01@aol.com

304

1 asking -- you're not deliberately trying to put junk articles
2 out there, right? These articles you identify are because
3 they're rigorous, correct?

4 A. Well, I think there are many journals. I would say that
5 overall, they are rigorous, but I would have to go back and
6 perhaps sit down with you, individually read over it, look over
7 the study design and pass an opinion as to how really good they
8 are. But I would say to you that this is the information that I
9 provided.

10 Q. Okay. Now, that's fair.

11 Study 7 also indicated that the absorption of quick
12 acting forms of insulin that are used in a pump is more
13 predictable than glargine, correct?

14 A. That's what that article said.

15 Q. Okay.

16 A. But I don't know if you know what you mean when you asked
17 me that question.

18 Q. I think I know what I mean when I asked you the question.

19 A. Because you're comparing apples and oranges. First, it's
20 injected into the abdomen and the abdomen is the quickest route
21 of administration. Glargine is not always injected into the

22 abdomen. This is number one.

23 Number two, one is a long acting insulin. It's a 24-hour
24 insulin, whereas the pump is a very short acting insulin, so I'm
25 not sure that I understand what you mean by --

Scott L. Wallace, RDR, CRR, Official Court Reporter
(202)354-3196 * scottlyn01@aol.com

305

1 Q. Predictable?

2 A. -- by predictability.

3 Q. Well, did you read this article, Dr. Schatz?

4 A. I did, but I would have to refresh my memory as to what
5 it is. But again --

6 Q. But the bottom line is in one of the articles that you
7 identified, that article indicated that the insulin pump was
8 more predictable than using injections with glargine, correct?
9 That's just what the article said, correct?

10 A. I think it depends on how the multiple dose insulin
11 injections were given. And I have to go back and ask the
12 question: Was this done in anticipatory done [sic]? Did the
13 person do this before a meal? Did they do it after a meal? Did
14 they compensate? I would have to really go back in to answer
15 that question.

16 Q. Okay. So -- but the bottom line -- I just want to make
17 sure I understand: Whatever the basis may be for the
18 conclusion, you agree that the conclusion was that an insulin
19 pump was more predictable than insulin injections using
20 glargine, correct? That's what the conclusion was?

21 A. In that particular set, yes.

22 Q. In one of the ten you cited?

23 A. Yes.

24 Q. Okay. Okay. Now, similarly, study 9 -- let me turn this
25 around for you -- study 9, this one right here (indicating) by

Scott L. Wallace, RDR, CRR, Official Court Reporter

(202)354-3196 * scottlyn01@aol.com

306

1 Follman, study 9 indicated "as between pumps and injections that
2 use glargine, pumps resulted in a significantly higher reduction
3 in Alc levels," correct?

4 A. That's in that study.

5 Q. Okay. In fact, one of the pediatric studies that you
6 cite to, number 10 here, which I'm not even going to pronounce
7 that last name, number 10 said that "glargine is not the ideal
8 basal insulin because it does not provide a variable basal rate
9 and that the pump remains the only current method of providing
10 the correct basal insulin supplementation on a physiological
11 manner," correct?

12 A. That's what it says, but I disagree.

13 Q. Okay. But at least there is someone out there that does
14 take the opposition, correct?

15 A. That is correct.

16 Q. And again, I guess, at the end of the day, Dr. Schatz,
17 your conclusion is that reasonable scientists can disagree?

18 A. That is correct.

19 Q. Now, last few questions, I promise. I know we've been
20 here a long time.

21 Dr. Schatz, you actually consider yourself a default pump

22 person, correct?

23 A. Yes.

24 Q. And by that, you mean you'll recommend pump therapy
25 unless there's a good reason to use injection therapy?

Scott L. Wallace, RDR, CRR, Official Court Reporter

(202)354-3196 * scottlyn01@aol.com

307

1 A. That's correct.

2 Q. And you apply that default rule to both your adolescent
3 patients and your adult patients?

4 A. At the individual level --

5 Q. Yep.

6 A. -- right. So if a person -- and this is a very
7 interesting thing, is you have to understand the circumstances
8 of the individual. The patient must want it, the family must
9 want it if, in fact, it's a younger person over [sic] the age of
10 18. The financial situation -- it costs a lot of money to be on
11 a pump. It cost \$6,000 and a couple of hundred dollars per
12 month in terms of having a pump. You've got to be able to be
13 dedicated to checking your blood sugars on a regular basis. You
14 have to anticipate. You have to compensate.

15 If the child and the family and the person don't want to
16 give insulin injections anymore, then I say to themselves [sic],
17 that's good, you do not need to give shots. We can give you a
18 pump. For kids in particular who really don't want to give
19 shots, I recommend that they do that.

20 Q. So, in other words, if the balance is like this
21 (indicating) and there's no strong preference one way or the

22 other, as a default pump person, you would recommend pump?

23 A. Depends on the family, the person, and it depends on the
24 situation. Again, if it's a football player, if it's a
25 sportsman, I would probably not recommend a pump. I evaluate

Scott L. Wallace, RDR, CRR, Official Court Reporter
(202)354-3196 * scottlyn01@aol.com

308

1 the situation. I also look at the hemoglobin Alc. And if, for
2 example, the Alc is 6.3 and they are really doing well, I would
3 say do not switch current therapies.

4 Q. Sure. And all I'm saying is that at the end of the day,
5 if everything else washes out in the mix and there's no real --
6 no opinion one way or the other, as a default pump person, you
7 recommend the pump?

8 A. Because children particularly do not like injections.

9 Q. But again, you're a default pump person for children and
10 adults, correct?

11 A. Well, most people don't like injections. But there are
12 many people who don't want a pump because they don't want to
13 carry around a pump.

14 MR. GARDNER: Your Honor, the government has no further
15 questions.

16 THE COURT: Thank you.

17 MR. GRIFFIN: May I proceed, Your Honor?

18 THE COURT: You may.

19 MR. GRIFFIN: I expect to be done with this witness within
20 15 minutes, so if I get close to that, Your Honor, let me know.

21 THE COURT: It's your witness, counsel.

22 MR. GRIFFIN: Thank you, Your Honor.

23 REDIRECT EXAMINATION OF DESMOND SCHATZ, M.D.

24 BY MR. GRIFFIN:

25 Q. Dr. Schatz, were you asked about study number 8?

Scott L. Wallace, RDR, CRR, Official Court Reporter

(202)354-3196 * scottlyn01@aol.com

309

1 Dr. Schatz, I'm just going to move this over here so you
2 can see it. I'm not going to get deep into the science here.

3 But did Mr. Gardner ask you about study number 8?

4 A. No. He left it out.

5 Q. All right. Now, was study number 8 done by any
6 scientists who were on the pay of a drug company or a pump
7 company?

8 A. Knowing the senior author and having read that paper,
9 there was no acknowledgment at all about any funding by industry
10 in the study.

11 Q. Now, did you cite this study in your first report to the
12 FBI?

13 A. I did.

14 Q. All right. And do you personally know the lead
15 investigator of that study?

16 A. I do. And the senior author as well.

17 Q. What are the qualifications and expertise of those two
18 investigators?

19 A. These are two of the leading authorities. In fact, there
20 are three leading authorities in the field of Type 1 diabetes:

21 Degauge, Peter Chase, Peter Gocci.

22 Q. And what is the brief conclusion of the whole study when
23 it comes to this issue of pump versus glargine?

24 A. May I read the conclusion?

25 Q. You may.

Scott L. Wallace, RDR, CRR, Official Court Reporter
(202)354-3196 * scottlyn01@aol.com

310

1 A. "Similar glycemic control can be achieved with either
2 pump or insulin glargine therapy without increasing hypoglycemic
3 episodes. Based on the cost estimates" --

4 MR. GARDNER: Your Honor, I will object. He's
5 summarizing.

6 THE WITNESS: It's three lines.

7 THE COURT: I think he can read it. Remember, he's an
8 expert; he can rely on hearsay. And you certainly opened this
9 door wide.

10 MR. GARDNER: That's fine.

11 THE COURT: Go ahead.

12 THE WITNESS: "Based on the cost estimates and the
13 increased frequency of diabetic ketone acidosis for pump users,
14 we recommend that MDI therapy using insulin glargine be routinely
15 utilized prior to considering insulin pump therapy."

16 BY MR. GRIFFIN:

17 Q. Now, is the DKA referred to there done in situations that
18 were not in challenging environments, such as zones of vigorous
19 strenuous physical activity?

20 A. They were not.

21 Q. Is the risk for DKA higher in those situations than in

22 the controlled situations of the study?

23 A. Far higher.

24 Q. And are there any studies anywhere in the world that
25 support the notion that pump therapy is safer and more reliable

Scott L. Wallace, RDR, CRR, Official Court Reporter

(202)354-3196 * scottlyn01@aol.com

311

1 than injection therapy in strenuous environments?

2 MR. GARDNER: Objection, lack of foundation.

3 THE COURT: Overruled.

4 BY MR. GRIFFIN:

5 Q. Now you --

6 A. Do I answer? I'm sorry.

7 Q. Yes.

8 A. Mr. Gardner had asked me that question and there is no
9 study and there never will be a study. It's an impossible study
10 to do.

11 Q. I'll briefly go through a few exhibits. I'm going to
12 show you what's been marked as Defendant's Exhibit Number 2,
13 about an agent that the FBI offered a job who was on the pump
14 therapy. And he was --

15 MR. GARDNER: I'm going to object now. It's beyond the
16 scope of Dr. Schatz expertise. He did not rely on it in offering
17 his opinions. This was available to him at the time he issued
18 his report, should he have chosen to consider it.

19 THE COURT: What's your response to that, counsel?

20 MR. GRIFFIN: He has not seen this document. The evidence
21 this morning was that he asked a question about Mr. Kapche having

22 an isolated 203 blood sugar level. That's what I want to
23 address.

24 THE COURT: I'm going to sustain the objection. You can
25 move on.

Scott L. Wallace, RDR, CRR, Official Court Reporter
(202)354-3196 * scottlyn01@aol.com

312

1 BY MR. GRIFFIN:

2 Q. All right. When you were talking this morning,
3 Dr. Schatz, with Mr. Gardner, would you please read the rest of
4 the sentence that Mr. Gardner did not allow you to read in your
5 report about the risk of pump failure as opposed to injection
6 therapy.

7 A. "While the risk of pump failure is minuscule in the hands
8 of a well-managed patient, there is no such risk with injection
9 therapy."

10 Q. And has your position been, start to finish in this case,
11 that the risk with injection therapy is less than that with pump
12 therapy?

13 A. Far less. My opinion has not changed.

14 Q. All right. You were asked a number of questions about
15 studies that show that pump therapy or injection therapy lower
16 Alc values -- lower Alc values. Regardless of which therapy,
17 did Mr. Kapche as an individual need to lower his Alc?

18 A. No. That would be very dangerous. Mr. Kapche's
19 hemoglobin Alc average was in the low 6s. We're actually -- to
20 give you an idea, we're actually revolve -- reassessing the
21 diagnosis of diabetes and in fact his Alc, if we do adopt those

22 criteria, which are likely, would be in the nondiabetic range.

23 Q. Thank you. Let me -- last of all, last subject, I'm
24 going to ask you to look at Defendant's Exhibit 43A.

25 MR. GARDNER: Sorry. That was defense Exhibit 43A,
Scott L. Wallace, RDR, CRR, Official Court Reporter
(202)354-3196 * scottlyn01@aol.com

313

1 counsel?

2 MR. GRIFFIN: Yes.

3 MR. GARDNER: What is it?

4 MR. GRIFFIN: A list of the essential functions.

5 MR. GARDNER: Your Honor, I'm going to object once again.

6 Dr. Schatz record -- he did not consider this when he formed this
7 opinion.

8 THE COURT: I don't know what the question is, counsel.
9 I'm not going to sustain an objection to his looking at it.

10 MR. GARDNER: All right.

11 BY MR. GRIFFIN:

12 Q. Dr. Schatz, do you have that now before you?

13 A. I do.

14 Q. And have you reviewed it?

15 A. I have.

16 Q. In your experience and expertise as a physician, have you
17 managed patients and treated patients in a variety of tasks?

18 A. Yes.

19 Q. For example, have you treated patients who have to push
20 and pull?

21 A. Yes.

22 Q. And carry?

23 A. Yes.

24 Q. And perform manual labor?

25 A. Yes.

Scott L. Wallace, RDR, CRR, Official Court Reporter

(202)354-3196 * scottlyn01@aol.com

314

1 Q. In challenging environments?

2 A. Yes.

3 Q. All right. Is there any function on that list that you
4 have before you --

5 MR. GARDNER: Objection, Your Honor.

6 THE COURT: I'm going to allow it. This is what this
7 whole case is about. I don't -- I'm going to allow it.

8 BY MR. GRIFFIN:

9 Q. Is there any function on that entire list that a ban on
10 injected patients has any connection to whatsoever?

11 A. So I just want to -- because I had to -- I've read it,
12 and it asks questions about lifting, carrying, pushing, pulling,
13 climbing, bending, stooping, squatting, walking, standing,
14 jumping, crawling, sitting, carrying of firearms. There is
15 no -- nothing that Mr. Kapche or otherwise in good control on
16 injections could not do.

17 Q. So let me ask this question in a little bit different
18 way: Is there any relationship with a ban on the one hand of
19 all injected patients and those job functions?

20 A. Makes no sense.

21 MR. GARDNER: Objection, Your Honor.

22 THE COURT: Overruled.

23 BY MR. GRIFFIN:

24 Q. What was your answer?

25 A. Makes no sense at all.

Scott L. Wallace, RDR, CRR, Official Court Reporter

(202)354-3196 * scottlyn01@aol.com

315

1 MR. GRIFFIN: Pass the witness, Your Honor.

2 MR. GARDNER: Could I ask just one?

3 THE COURT: One or a few. Go ahead.

4 RE-CROSS-EXAMINATION OF DESMOND SCHATZ, M.D.

5 BY MR. GARDNER:

6 Q. Dr. Schatz, Defendant's Exhibit 43 that you were just
7 looking at --

8 A. Yeah.

9 Q. -- that's not something you had at the time that you
10 offered your opinion; is that correct?

11 A. That's correct.

12 MR. GARDNER: No further questions.

13 MR. GRIFFIN: I don't have any redirect, Your Honor.

14 THE COURT: Doctor, thank you. That completes your
15 testimony. You're excused.

16 THE WITNESS: Thank you.